

1 IN THE UNITED STATES DISTRICT COURT
 2 FOR THE NORTHERN DISTRICT OF ILLINOIS
 3 EASTERN DIVISION

*p37-type 00
 tests necessary
 but not performed.
 p39-check
 know how
 from some case
 would answer
 it*

4 ---000---

5 UNITED STATES OF AMERICA,)
 6)
 7 Plaintiff,)
 8 vs.)
 9 An article of food consisting)
 10 of the following:)
 11 60 cases, more or less, each)
 12 containing 12/50-tablet bottles)
 13 ...)
 14 all labeled in part: ...)
 15 (bottle))
 16 "Aangamik 15 Calcium Pangamate)
 17 (a salt of Pangamic Acid)",)
 18 Defendant.)
 19 FOODSCIENCE LABORATORIES)
 20 INCORPORATED,)
 21 Claimant.)

*p40-test of ...
 CONSOLIDATED CASES*

Nos. 77C 662, 77C 3314, 77C 1647,
 77C 1526, 77C 4219, 77C 3210

*p42-no subcarbamate
 feeding studies w/ di-
 methyl glycine + other
 studies.
 p43-not conducting general
 toxicity studies
 p50-other scientists
 struck*

18 DEPOSITION OF:

19 JERZY W. MEDUSKI

20 November 7, 1978

24 REPORTED BY:
 25 ROBERT MORRIS
 CSR No. 3318

I N D E X

Deposition of Jerzy W. Meduski
November 7, 1978

Page

Examination by Mr. Craig

4

Examination by Mr. Ullman

59

EXHIBITS

For the Plaintiff:

<u>No.</u>	<u>Description</u>	<u>Iden.</u>
1	Document entitled "Calcium Pangamate, A Comparative Report, DaVinci Laboratories"	32
2	Copy of document entitled "United States Patent Office," No. 3,033,868, Patented May 7, 1963	47
3	Document bearing the heading "The Metabolism of Dimethyglycine by Liver Mitochondria," Vol. 232, 1958	58
4	16-page document from The American Journal of Clinical Nutrition, entitled "Biosynthesis of Choline and Betaine"	59

1 BE IT REMEMBERED that, pursuant to Notice of
 2 Taking Deposition, and on Tuesday, November 7, 1978,
 3 commencing at the hour of 10:15 a.m. thereof, at Morgan Hall,
 4 Room 238, University of California, Berkeley, Berkeley,
 5 California, before me, ROBERT MORRIS, a Notary Public in
 6 and for the County of Alameda, State of California,
 7 personally appeared

8 JERZY W. MEDUSKI,

9 called as a witness herein, who, having been first duly
 10 sworn, was examined and testified as hereinafter set forth.

11 - - -

12 JAMES T. HYNES, ESQ., Assistant United States
 13 Attorney, Northern District of Illinois, 219 South Dearborn
 14 Street, Room 1500, Chicago, Illinois 60604; and RONALD L.
 15 CRAIG, ESQ., Trial Attorney, Food and Drug Administration,
 16 Office of the General Counsel, GCF-1, 5600 Fishers Lane,
 17 Rockville, Maryland 20857, appeared as counsel on behalf of
 18 Plaintiff United States of America.

19 BASS, ULLMAN & LUSTIGMAN, by ROBERT ULLMAN, ESQ.,
 20 747 Third Avenue, New York, New York 10017, appeared as
 21 counsel on behalf of Claimant Food Sciences Laboratories,
 22 Incorporated.

23 ALSO PRESENT: ROGER V. KENDALL.
 24
 25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

It is stipulated and agreed by counsel for the respective parties that the deposition be taken pursuant to the Federal Rules of Civil Procedure.

It is further stipulated and agreed by counsel for the respective parties that all objections, except as to the form, would be reserved until the time of trial and that said objections would have the same force and effect as if interposed at the time of the taking of the deposition.

It is further stipulated and agreed by and between counsel for the respective parties and the witness that the reading and signing of the deposition is expressly reserved, and that the signing of the deposition will be obtained within 30 days after delivery by the reporter to counsel.

It is further stipulated and agreed by and between counsel for the respective parties that the deposition will be deemed signed and filed with the clerk of the respective court after the 30-day period.

It is further stipulated and agreed by and between counsel for the respective parties that in the event additional time is required by use of the mails the 30-day period will be extended accordingly.

EXAMINATION BY MR. CRAIG

1
2 MR. CRAIG: Q. Please state your name for the
3 record.

4 A. Jerzy W. Meduski, Ph.D.

5 Q. Would you give us your home address and your business
6 address?

7 A. Home address, 1066 South Genessee Avenue, Los Angeles
8 90019.

9 And the business address is S.C., School of Medicine,
10 1411 Eastlake Avenue, Los Angeles 90033.

11 Q. Dr. Meduski, have you ever been deposed before?

12 A. No.

13 Q. Have you ever testified before?

14 A. No.

15 Q. By whom are you employed, Dr. Meduski?

16 A. USC, School of Medicine.

17 Q. Any particular department?

18 A. Neurology.

19 Q. Department of Neurology?

20 A. Neurology.

21 Q. Are you working there full time --

22 A. Yeah.

23 Q. -- in the Department of Neurology?

24 A. Yeah.

25 Q. Are you affiliated with any other particular

1 department?

2 A. Yes, I am teaching outside of the daywork in the
3 Department of Biological Sciences.

4 Q. Okay. Now, the Department of Neurology is under the
5 School of Medicine; is that correct?

6 A. Yes.

7 Q. But the Department of Biological Sciences, that's
8 separate?

9 A. It's preparing pre-med, pre-dent and pre-pharmacy
10 students and any other biology majors, all the people taking
11 biology classes.

12 Q. How long have you worked with the Department of
13 Neurology?

14 A. Since -- I think it was about four years or five
15 years -- four years.

16 Q. Who is your supervisor?

17 A. Dr. Van der Meulen, who is Chairman of the Department
18 of Neurology and also Vice-President of USC for Health
19 Sciences in charge of medicine, dentistry and pharmacy.

20 Q. Who is your supervisor at the Department of
21 Biological Sciences?

22 A. I don't have a supervisor in the Department of
23 Biological Sciences. I teach three classes without any super-
24 vision as an independent scientist.

25 Q. How long have you been with the Department of

1 Biological Science?

2 A. I -- I never was a member of the Department of
3 Biological Science, never.

4 Q. How long have you been teaching those three classes?

5 A. About four years, more or less, or five years.

6 I was teaching -- if this is interesting to you, I
7 was teaching Principles of Biochemistry, which is four credit
8 class. I'm teaching Introduction to Biochemistry and Cellular
9 Physiology, a credit class, and I teach also the class which
10 I introduced at USC, Nutritional Biochemistry, Advanced
11 Biochemistry class with lab for credit point 438LX.

12 Q. What is the nature of your work with the Department
13 of Neurology?

14 A. I am doing research dealing with interaction of
15 molecular oxygen with living organisms under influence of
16 nutrients, active biological substances or the conditions of
17 the living organism. I am studying on the cellular level and
18 organic level and subcellular level the events.

19 Q. What other departments under the School of Medicine
20 have you worked with at USC?

21 A. I was in the Department of Pharmacology, because
22 I am both M.D. and Ph.D., Biochemistry; and I am specially
23 trained in pharmacology. I worked in the Department of
24 Pharmacology.

25 Q. Who was your supervisor in the Department of

1 Pharmacology?

2 A. The Chairman of the Department of Pharmacology is
3 Dr. Samuel Bessman.

4 Q. And how long did you work with Dr. Bessman?

5 A. I think one year or one -- not more than one or one
6 and a half years.

7 Q. What was the nature of your work with Dr. Bessman?

8 A. I didn't work with him directly. I was studying the
9 problem, my nine-year-old studies on the mechanism of activity
10 of certain bacterial toxins. I was studying the hemolysis
11 caused by certain bacterial toxins. And as a result of those
12 studies I prepared and defended new explanation of activity
13 of certain gangrene-producing microorganisms.

14 Q. The nature of this work, was it laboratory research
15 or was it library research?

16 A. Pure laboratory research and ended with publication
17 in Switzerland in Experientia as a scientific journal published
18 in Switzerland. And this work, by the way, was later on
19 discussed. And if you would like to have the opinion of other
20 people about this work, you should read one of the issues of
21 Bacteriological Review where this work is discussed.

22 Q. Why did you leave the Department of Pharmacology?

23 A. Because I suggested to the Department of Pharmacology
24 that they should be interested in nutrition besides pharma-
25 cology.

1 I gave the first course in pharmacology in nutrition
2 in the Department of Pharmacology.

3 I gave them the outline, that they should expand in
4 the area of pharmacology, and they decided to do this work
5 without my help. And in the same time I got the possibility
6 to study laboratory, new laboratory work in nutrition with a
7 grant, governmental grant on Vitamin B12. And I was studying
8 this using the grant, governmental grant awarded to Dr. Dubinoff.
9 He was -- he was studying B12, and he died because of retro-
10 ocular neoplastic growth.

11 And after checking my credentials, NIH agreed for me
12 to continue until expiration of this grant study. And I was
13 given the chance to be independent. I was given the complete
14 lab, and I moved over there, and I started to build up my own
15 lab. At the moment I have my own independent lab within the
16 Department of Neurology. I recently received utilization of
17 new premises. I expanded, sort of, so I'm very happy with
18 this.

19 Q. Have you worked with any other departments?

20 A. Not in the University, no.

21 Q. Never worked with the Department of Physiology?

22 A. No, never.

23 Q. Where did you work previous to the Department of
24 Pharmacology?

25 A. I worked at USC, that's how I know.

1 Q. Previous to your working with USC, where did you
2 work?

3 A. At UCLA.

4 Q. UCLA?

5 A. Uh-huh. I worked in UCLA in the Department of
6 Medical Microbiology and Immunology. The Chairman was Dr.
7 Rasmussen, and I worked over there on problems of testing --
8 I was studying synthesis of tryptophane in certain micro-
9 organisms, and I discovered new pathway leading to tryptophane
10 formation. This discovery has been recognized, and in this
11 book (indicating) they recognize this discovery. They wrote
12 the fact that I discovered this while working at UCLA, and
13 you could get this (indicating).

14 MR. ULLMAN: Do you want to identify the book, Doctor?

15 THE WITNESS: Yes. An Introduction to Metabolic
16 Pathways by Dagley and Nicholson, published by John Wiley.

17 MR. CRAIG: Can you --

18 MR. HYNES: I'd like the date of the publication,
19 that's all.

20 THE WITNESS: One moment. This transition was dis-
21 covered by me, and you could --

22 MR. CRAIG: Q. What page?

23 A. Page 237.

24 Q. I think you've answered the question.

25 A. No. No. No. I will try to answer it completely.

1 Oh, here it is here, Meduski and Zamenhof, 1969 studies on
2 tryptophane synthesis from various strains of bacillus
3 subtilis, published in Britain Journal of Biochemistry,
4 Volume 112, Page 285.

5 Q. The reference is on Page 317?

6 A. Page 317.

7 And at this time when I was at UCLA I worked out a
8 micromethod which makes possible the discovery because the
9 methods in existence were not scientific enough.

10 Q. Can you tell me, Dr. Meduski, between what years you
11 worked at UCLA?

12 A. Between 1965 and 1969, I believe.

13 Q. Was there any other department other than
14 Microbiology that you worked at UCLA?

15 A. Officially employed, I was not. I was -- yes, in
16 the very, very beginning I worked in the Department of
17 Bacteriology. I worked as a technician for \$500 monthly.
18 I was at this time not yet a citizen of this country and
19 none of my credentials were recognized by people from UCLA.

20 Q. Where did you work previous to UCLA?

21 A. Previous to UCLA I worked at the Scripps Clinic and
22 Research Foundation in La Jolla. This is one of the best
23 clinical centers in this country.

24 Q. What state is that in?

25 A. It is in California.

1 Q. California?

2 A. In San Diego. It is north of San Diego. It is
3 Scripps Clinical and Research Foundation, is the place where
4 I could meet -- among the patients, wife of Goldwater; this
5 is the place.

6 Q. What kind of work did you do there?

7 A. I was studying -- I was doing two things: One, I was
8 cooperating in work where my money came from; and the other
9 I was given opportunity to do my original work.

10 In the work where I cooperated, I worked on the
11 polarization of florescence at the heat which makes it possible
12 to determine combination of two proteins together or protein
13 or non-protein tied together. It is highly sophisticated
14 biophysical technique which uses instrumentation not available,
15 so to speak, on the market but constructed in the laboratory.

16 And in the work of which I was doing my own work,
17 I was studying the same toxin, which I later on was studying
18 at USC, the toxin which is called alpha toxin of gas gangrene
19 producing microorganism, and I discovered the presence in
20 human serum of the proteins which are active this toxin.

21 Q. Doctor, you mentioned that you had a Ph.D. and M.D.;
22 is that correct?

23 A. Uh-huh.

24 Q. Can you tell us where you received your M.D.?

25 A. I received M.D. in the University of Warsaw in

1 Poland, and all that data connected with my M.D. you can find
2 out in AMA because I was checked by American Medical
3 Association and entered in the Master File of Physicians of
4 this country. And if you'd go to the list of physicians in
5 California, you will find out my name.

6 Q. You received that degree in 1946?

7 A. In November 1946. Later on I received practitioner's
8 certificate after doing necessary internships and residencies.
9 I have practitioner's certificate in Poland.

10 Q. What states in the United States are you licensed to
11 practice?

12 A. I am theoretician, and I never practiced in Poland.
13 I don't intend to practice here.

14 Q. So you're not licensed in any state?

15 A. I am. There are states where I would be able to
16 practice and while practicing passing Federal Board Examination
17 but I am theoretician.

18 Q. I just want to get this: Are you saying that as
19 of now presently you are not licensed to practice in any state?

20 MR. ULLMAN: He answered your question, Mr. Craig.

21 Do you want to hear it back?

22 Off the record, one moment, please.

23 (Record read.)

24 MR. CRAIG: Q. Could you tell me then, Doctor, what
25 states you are licensed to practice?

1 A. No, no. This is wrong question, which means that
2 you misunderstood my previous answer.

3 I said that I have chosen not to follow the life of
4 the practitioner. I was trained many years. Five years after
5 I received my Medical Degree, I received another degree in
6 Biochemistry; it's called exactly Doctor in Philosophy of
7 Animal Physiology, specialty Biochemistry; another university
8 in October '51. I was trained specially, sent by United
9 Nations to Poland to the Dutch State Institute of Hygiene
10 to study the way to check correctness of drugs in a country.

11 I received fellowship, and I have the Xeroxed copies
12 to support this. And I organized in Poland Division of
13 Pharmacology in Polish Institute of Hygiene. I was responsible
14 between 1946 and 1952 for quality control of all drugs marketed
15 in Poland.

16 Q. Doctor, I do not have reference to your Medical
17 Degree and not the Ph.D. in Biochemistry.

18 Wait just a second.

19 I asked first where you were licensed to practice in
20 any particular state, and I think you answered that you had
21 taken the Federal Board --

22 MR. ULLMAN: He did not answer that. That is an
23 improper question.

24 THE WITNESS: You don't -- I simply -- please write
25 down. You simply don't understand the problems involved.

1 You should prepare yourself better for this type of discussion.

2 What I wanted to say, that AMA checked my medical
3 preparation, offered me membership in AMA, and I was informed
4 and sent folder about this, that there are states in the
5 country where foreign trained physicians can start practicing
6 while preparing for Federal Board.

7 In other words, if I wanted -- if I wanted to enter
8 life as a practitioner, I had door open. But I disliked this
9 endeavor. I am theoretician dealing with the theory of
10 diseases and the factors which make a person healthy.

11 MR. CRAIG: Q. Thank you.

12 You mentioned, Doctor, that in Poland you were --
13 you worked with pharmacology?

14 A. No, I didn't work with pharmacology. After the
15 Second World War I organized Division of Pharmacology of the
16 State Institute of Hygiene in Poland because this division
17 did not exist because of the war catastrophes, and I was in
18 charge of this division. And I was responsible for pharma-
19 cological evaluations of all drugs marketed in Poland.

20 Q. Were you performing toxicity tests?

21 A. I was performing toxicity tests, biological activity
22 tests, biological standardization tests, all tests which
23 Dr. Rakoski requires at this moment for anybody who would
24 apply for any application to do any decent preparation and
25 put on the market, obviously.

1 Q. What are some of those tests that you just referred
2 to?

3 A. Buy a book of toxicology and read it. I don't want
4 to spend several hours going around all those pages. It's a
5 waste of time. Or ask a consultant.

6 Q. You mentioned tests, the normal tests that should
7 be done on a substance before it could be marketed.

8 MR. ULLMAN: Excuse me --

9 THE WITNESS: One moment. I received and I have in
10 my pocket -- I received a letter from Dr. Charles Kakoski,
11 and he listed on two pages all literature which is required
12 in this country, which list requires of this country to be
13 fulfilled before anybody can make any valuable application
14 for any substance marketed not as a drug, for instance.

15 MR. CRAIG: Q. Now, when you were in Poland, were
16 these the same kinds of tests that were --

17 A. You are --

18 Q. Let me finish.

19 Were these the same kind of tests that were required
20 in Poland before a substance could be marketed?

21 A. Qualitatively they were the same. There were dif-
22 ferences in details. But all those tests are basically
23 following the rules of Biological Standardization Commission
24 of the World Health Organization of the United Nations. And
25 any country belonging to the United Nations and the World

1 Health Organization follows the advice with local modifications.

2 Q. Okay. When were you first contacted by Foodscience
3 Laboratories?

4 A. They should correct me if I'm wrong, about three
5 years ago. Two men arrived to my laboratory, one of them by
6 the name Joe DeSilva and the other by the name of Guido
7 Orlandi, and they asked me whether I am the man who gave
8 in pharamacology a seminar discussing problems of so-called
9 B15. I said, yes, I was the guy who did this because
10 Pharmacology Department, USC, didn't know nothing about pro-
11 blems connected with pangamic acid. I asked them how they
12 know about this? They said that DeSilva's co-worker has an
13 uncle who was present at the seminar and he told them about
14 this.

15 Q. Were you at that time asked to do work for Foodscience
16 Laboratory?

17 A. No. No, they wanted me to -- first, we talked, and
18 they said that they are interested in knowing something
19 theoretical about the compound which they either, I don't
20 remember, market or intending to market.

21 I said that I disliked to do practical work, but I
22 have certain theoretical problems common with area of
23 so-called -- why I say "so-called" because this is false
24 name -- so-called B15.

25 Now, what are the common problems?

1 Q. I think you have answered the question.

2 A. But I will tell you something for just your benefit.

3 Do you remember when I said about Dubinoff that
4 I was doing research on B12?

5 Q. Yes.

6 A. B12 and so-called area of B15 have one thing in
7 common, one carbon unit metabolism.

8 Q. Doctor, I think we're going to get into that later
9 on.

10 A. All right. All right. All right. I just wanted
11 to explain to you.

12 Q. Right. Now, I'd like to kind of pursue this line of
13 questioning. When were you first -- are you now contracted
14 to do work for Foodscience Laboratories?

15 MR. ULLMAN: I'm going to object to the form of the
16 question.

17 THE WITNESS: I can defend myself.

18 MR. CRAIG: Q. Are you now doing work for Foodscience
19 Laboratory?

20 A. I received a yearly grant, and within this yearly
21 grant I plan and I design the experiments which I want to do,
22 which when completed will be published and which they consider
23 important for them enough to give me this grant.

24 Q. When did you first receive this grant?

25 A. Last year. Last year, '77, I think. Yeah.

1 I recall '77.

2 Q. Are you also doing work for DaVinci Laboratories?

3 A. The grant was given to the University, not to me
4 personally. The University established an account for me,
5 and I can give you the number, if you like. And after the
6 blessing of the University, after the money deposited at USC
7 for my disposal through the University according to the rules
8 of the University, I started to do research. And this is it.

9 Q. Doctor, my question -- my question was -- my question
10 was: Are you doing work for DaVinci Laboratories?

11 A. The whole grant was signed and given by DaVinci.
12 Yeah, it was DaVinci Laboratories research. And in my -- if
13 you would look at my University activity chart, you will find
14 that certain percent of my time is devoted to research
15 sponsored by DaVinci Laboratories through the University.
16 It doesn't go to me. It goes to the University. And I don't
17 remember the account number.

18 Q. Okay. Doctor, what kind of work are you doing for
19 them?

20 A. I am studying -- very simple, I can show you --

21 MR. ULLMAN: Answer the question.

22 THE WITNESS: I'm studying the metabolic effects of
23 dimethylglycine in laboratory animals.

24 MR. CRAIG: Q. What do you hope to show by this?

25 A. Scientist doesn't work in order to hope to show

1 something. Scientist works in order to find out what occurs.

2 Q. So, Doctor, can you tell me what kind of tests you
3 are running on dimethylglycine?

4 A. Again, this is very primitive question. I'm not
5 running tests. I am designing experiments where one of the
6 parameters sometimes is and sometimes is not dimethylglycine.

7 Q. Can you tell me some of the experiments? Could you
8 describe them for me?

9 A. I take a rabbit, and I put this rabbit after anes-
10 thesia on the controlled respiration. I measure -- I set --
11 I'm setting up the measuring devices to measure venous
12 blood pressure of this rabbit, arterial blood pressure of
13 this rabbit, respiration of this rabbit. And I am introducing
14 solution of, neutralized solution of, dimethylglycine into
15 venous system of this rabbit, and I am following the changes
16 of blood pressure, for instance. This is a very simple
17 example.

18 Q. Okay. Are you conducting studies, safety studies
19 on -- toxicity studies on dimethylglycine?

20 A. Before carrying out experiments of any metabolic
21 value on any substance, the scientist must know within what
22 range he can safely operate. I have not -- I cannot start
23 any pharmacological studies without knowing beforehand the
24 toxicity level of the substance I am studying. Therefore,
25 it is obligatory for any work to find out the toxicity range

1 of the substances studied.

2 Q. Doctor, can you tell me what is pangamic acid --
3 excuse me. What is calcium pangamate?

4 A. Calcium pangamate, it is a name derived according
5 to the rules of chemistry from an acid, pangamic acid, and it
6 means calcium salt of pangamic acid, in the way as calcium
7 sulfate means calcium salt of sulfuric acid.

8 Q. What is pangamic acid?

9 A. Pangamic acid according to definition accepted by
10 some scientists is an ester of dimethylglycine and D-gluconic
11 acid.

12 Q. Okay. Doctor, you mentioned that this is what some
13 scientists in their opinion say pangamic acid is.

14 Can you tell me, is it your opinion, then, that there
15 is no one generally recognized chemical structure for pangamic
16 acid or calcium pangamate?

17 A. I would say that I did not read any dissenting work
18 proving this factor of pangamic acid. I cannot --

19 Q. So there is some disagreement as to --

20 A. I think that this is correct. The structure of
21 pangamic acid was never scientifically completely proven.

22 Q. So in the opinion of other scientists do some --
23 are there other scientists that believe that calcium pangamate
24 or pangamic acid has a different structure other than D-gluconic
25 acid and dimethylglycine?

1 A. No, I think that when somebody says pangamic acid,
2 he must understand the ester structure, but how this ester
3 is formed finally has not been, according to my opinion,
4 irrevocably established.

5 Q. Doctor, are there some scientists that feel that
6 pangamic acid is a mixture or is a -- excuse me -- is a mixture
7 of calcium gluconate, diisopropylamine, dichloroacetate and
8 glycine?

9 A. No scientist can put one name -- one compound on
10 other compound. There are probably some people who are
11 motivated by other -- other points of view than scientific
12 who would use this type of name, but no scientist would put
13 name of one compound and start calling something else this
14 compound.

15 Q. Is calcium pangamate a mixture of -- can it be a
16 mixture of gluconic acid and dimethylglycine?

17 A. If somebody is using scientific nomenclature
18 correctly, when somebody says "calcium pangamate," it's a
19 compound; it's a salt of pangamic acid; it means calcium salt
20 of pangamic acid. It is not -- scientifically speaking this
21 name cannot be used for a mixture.

22 Q. Can you describe for me how calcium pangamate, the
23 compound, is made?

24 A. I don't know because I'm not interested in the making
25 of compounds.

1 You should ask an organic chemist who is making this.
2 I'm not.

3 Q. Can you explain for me how the mixture calcium
4 pangamate and dimethylglycine is made?

5 A. Oh, most likely by putting those two compounds
6 together and mixing them.

7 Q. There is no chemical reaction?

8 A. Mixture differs from a compound by the fact that in
9 the mixture initially there is no chemical reaction, just
10 mixture.

11 Q. Doctor, have you ever analyzed any samples of the
12 seized product?

13 MR. ULLMAN: Objection. I don't know if the witness
14 understands what you mean by the "seized product."

15 Are you referring now to specific lots of the article
16 under seizure? Because I don't think the doctor has any idea
17 of what the lot numbers are, if there are lot numbers or
18 specific lots.

19 MR. CRAIG: Q. First of all, have you ever analyzed
20 any official samples taken from the specific products that
21 have been put under seizure?

22 MR. ULLMAN: Objection to "official samples."
23 I don't think the doctor would have the slightest idea what
24 you mean by that.

25 MR. CRAIG: Q. Any sample at all, Doctor?

1 Q. Yeah, I work out a method to find out whether a given
2 sample represents an ester of dimethylglycine, and I worked
3 out this method as a new approach. And when I worked out this
4 method, I did some analysis, indeed.

5 MR. ULLMAN: Off the record.

6 (Discussion off the record.)

7 MR. ULLMAN: In the discussion that was just had
8 off the record, it was clarified that Dr. Meduski did not
9 have specific samples of lots of any of the products that were
10 specifically seized in each of the actions that have been
11 consolidated.

12 MR. CRAIG: Q. Doctor, have you ever analyzed
13 Aangamik 15 tablets, calcium pangamate produced, manufactured
14 by, distributed by Foodscience Laboratories?

15 A. Yeah.

16 Q. What did you find when you made your analysis?

17 A. I found very small amount of ester in the preparations.

18 Q. Can you then draw a conclusion that this is a mixture
19 as opposed to a compound?

20 A. On the basis of this negative results, lack of
21 esters, I could not say. For this it was necessary to identify
22 the components of the mixture, which I did not do. I just
23 wanted to know whether it is an ester or not, and I found
24 there is very little.

25 Q. So you did not determine what the ingredients of

1 the mixture were?

2 MR. ULLMAN: He did not say that, Mr. Craig.

3 MR. CRAIG: Could you read it back? Would you read
4 back his answer, please?

5 (Record read.)

6 THE WITNESS: Very simple. I was not interested.

7 MR. CRAIG: Q. Okay. What tests did you use, Doctor?

8 A. To get --

9 Q. To identify the ester content.

10 A. The color formation based on the production of hydrox-
11 amic acid derivative, the color formulation, colormetric test.

12 Q. Is that infra-red spectroscopy?

13 A. No, it was visual.

14 Q. Doctor, you said there was minimal ester content.

15 Can you tell me how much in percentage?

16 A. I don't remember, but it was in some preparation
17 two percent, I remember in one between two and nine, something
18 like this.

19 Q. Two and zero?

20 A. No, two and nine.

21 Q. Nine.

22 A. As far as I remember. In some there was zero.

23 Q. Doctor, is it possible to conduct a study on a
24 mixture, let's say a mixture of calcium gluconate and
25 dimethylglycine and draw conclusions as to the safety of a

1 compound made up of calcium gluconate and dimethylglycine?

2 MR. ULLMAN: Object to the form of the question.

3 MR. CRAIG: I beg your pardon?

4 MR. ULLMAN: Object to the word "possible."

5 THE WITNESS: All right. All right.

6 MR. CRAIG: I was just asking if in his opinion can
7 that be done?

8 THE WITNESS: It is possible, but it is scientifically
9 unsound. It is much better to work with purified substances
10 and simplified conditions.

11 MR. CRAIG: Q. Now, the reverse of that -- is it
12 possible to conduct -- in your opinion, is it possible to
13 conduct a study on the compound, let's say a compound of
14 calcium gluconate and dimethylglycine and to draw conclusions
15 as to the safety of a mixture, dimethylglycine and calcium
16 gluconate?

17 A. If you know components of mixture and if you know
18 response of living organism to each of the members of the
19 mixture, then when you are working in the situation when there
20 is no interaction within the mixture, you could say certain
21 prediction about toxicity of the mixture.

22 Did I make it clear?

23 Q. Yes, I think so.

24 Why is it different conducting a study on the mixture
25 and drawing conclusions and not be able to draw conclusions

1 as to the compound, and yet one can draw conclusions conducting
2 a study on compounds?

3 MR. ULLMAN: Don't answer the question. I'm going
4 to object to the form of the question because the doctor did
5 not say it was not possible.

6 He said it was possible but scientifically that
7 another procedure would be preferable.

8 If you want that read back, let's go back.

9 You asked him whether it was possible to conduct a
10 study on a compound and to draw a conclusion on a mixture.
11 His answer was that it is possible but scientifically something
12 else is more desirable.

13 THE WITNESS: I wouldn't do it myself. I wouldn't do
14 investigations where I would spend my time dealing with the
15 stuff. Very simple.

16 MR. ULLMAN: There's no question pending, Doctor.

17 MR. CRAIG: Q. Isn't it true, Doctor, that the
18 biological activity and toxicity of a mixture of two ingredients
19 is not always the same as those same two ingredients when
20 they're formed in a compound?

21 A. This is why I say no decent scientist would work with
22 the mixture when he is able to work with separate ingredients
23 because it is true that there are divisions. It is obvious.
24 Yeah, it's true. I agree with you. I personally wouldn't
25 do this type of research.

1 Q. But you would do the kind of research which involved
2 a study on the compound itself?

3 A. One compound --

4 MR. ULLMAN: Object to the question.

5 THE WITNESS: One moment.

6 MR. ULLMAN: Just a moment.

7 Mr. Craig, I'm going to have to ask you not to put
8 words into the witness's mouth.

9 Now, the doctor did not say this.

10 MR. CRAIG: I didn't characterize it. I'm asking him
11 would he conduct --

12 MR. ULLMAN: Rephrase the question. The objection
13 is the form. All other objections are reserved because I have
14 a continuing objection as to the entire line of questioning
15 as to the status of a compound. It is totally irrelevant.

16 THE WITNESS: Look --

17 MR. ULLMAN: There's no question pending.

18 MR. CRAIG: Q. The question pending is would you
19 conduct studies on the compound, one compound, let's say a
20 compound of calcium gluconate and dimethylglycine and be able
21 to draw conclusions as to the safety of the ingredients,
22 particular ingredients in the mixture?

23 MR. ULLMAN: I'm sorry. I'm going to object to the
24 form of the question.

25 You didn't ask him would he do it, follow that

1 procedure. You're now asking would he be able to do it if
2 he did it.

3 If you want to rephrase the question -- please do.

4 If your question is would you follow such a procedure
5 to do so, fine.

6 If you're asking him if he was able to do so, that is
7 a different question.

8 MR. CRAIG: Well --

9 MR. ULLMAN: Could you read the question back, please,
10 Mr. Reporter?

11 And, Doctor, please, we do have a record that has
12 to be made here.

13 Mr. Reporter, read the question back, please.

14 (Record read.)

15 MR. ULLMAN: Now, if you will change "able" for
16 "purpose of." I think that's what you're asking for, the
17 purpose of.

18 MR. CRAIG: No, I want to know would he be able to
19 draw those conclusions. I don't want to know whether he's
20 done it in the past. I want to know if he were to conduct it,
21 the safety study or studies on the compound itself, calcium
22 gluconate and dimethylglycine, could he draw conclusions as
23 to the safety of the individual ingredients in the mixture
24 of calcium gluconate and dimethylglycine.

25 MR. ULLMAN: Didn't he already answer that question

1 by saying to you that it could be done but that it is scien-
2 tifically unsound?

3 MR. CRAIG: He did not answer the question that he
4 could.

5 He answered the question that other scientists could.
6 I want to know whether he could.

7 MR. ULLMAN: In the face of him telling you that it's
8 scientifically unsound, he's already told you that.

9 MR. CRAIG: He spoke as to the scientifically unsound
10 nature of taking the mixture and drawing conclusions as to
11 the compound. I have reversed it now on the compound, drawing
12 conclusions as to the ingredients in the mixture.

13 MR. ULLMAN: I object to the form of the question.
14 If you want to continue --

15 THE WITNESS: I have the feeling that the person who
16 talks to me -- I have forgotten your name -- will learn some-
17 thing from the discussion.

18 There are two approaches to experimentation with
19 drugs. One of them is purely theoretical where we aim at
20 simplifying conditions so our results will be easy to interpret.

21 The other approach is not purely theoretical but
22 practical approach, dictated by the practical aims.

23 In school of pharamacy there's a big area of
24 investigations which deals with precisely the question you
25 asked me, whether it is possible knowing components of a

1 mixture to predict its activity as a whole. This is a very big
2 field and very difficult because there are very many additional
3 factors involved when you can reach the mixture. Very often
4 a tablet or a solution or a serum or something before final
5 composition of a mixture is established is modified many times
6 because although components of the mixture are known to the
7 person who is compounding, the results of mixing bring new
8 aspects of the story.

9 This is why I say when you must solve this practical
10 problem you spend a lot of studies on it and the approach is
11 the practical, satisfactory solution.

12 But this is not theoretical work; it is practical
13 work. I am a theoretician, and I dislike the type of work.
14 And I dislike dealing with this type of problem. That simple.

15 (A short recess was taken.)

16 MR. CRAIG: Q. Dr. Meduski, have you ever seen this
17 document before?

18 It is entitled "Calcium Pangamate, A Comparative
19 Report." Down at the bottom, "DaVinci Laboratories."

20 A. I may have in passing just looked at it without
21 paying much attention, but I believe that I looked at it.

22 Q. Could you look at Page 2?

23 MR. ULLMAN: Okay. I'm going to object to any ques-
24 tions about that document insofar as it has not been established
25 to be labeled for any of the articles under seizure in

1 this case.

2 MR. CRAIG: It doesn't have to be labeling.

3 MR. ULLMAN: It has nothing to do with any of the
4 articles under seizure in this case.

5 MR. CRAIG: We'll see when I ask him a question.
6 You haven't heard the question yet.

7 MR. ULLMAN: Have you heard my objection yet?

8 MR. CRAIG: You don't have a question to object to.

9 MR. ULLMAN: Would you read back my objection, please,
10 Mr. Reporter?

11 MR. CRAIG: I heard the objection. We don't have
12 to go through that.

13 The reason I've given this to Dr. Meduski is because
14 I want him to be able to tell me whether or not the chemical
15 structure on Page 2 is the correct chemical structure for
16 calcium pangamate.

17 Do you object to that?

18 MR. ULLMAN: Yes. Go ahead and answer the question.
19 All objections are reserved except as to form.

20 MR. CRAIG: Fine. Fine.

21 Q. Dr. Meduski, is the chemical structure on Page 2 the
22 correct chemical structure for calcium pangamate, the compound?

23 A. Do you remember what I said in the beginning? That
24 the chemical structure of calcium pangamate has been never
25 satisfactorily established.

1 How can I say something whether it's correct or not?

2 Q. But you also said, Doctor, and correct me if I'm
3 wrong, that in your opinion --

4 A. It's an ester.

5 Q. An ester of D-gluconic acid and dimethylglycine?

6 A. Yes.

7 Q. Is this the chemical structure for an ester?

8 A. I don't know because there are several possibilities
9 to make this ester, very frankly.

10 Q. Do you know whether this is the chemical structure
11 not of an ester but of D-gluconic acid and dimethylglycine?

12 A. Very frankly, I don't know. And this way of writing
13 makes it very difficult to know exactly. For instance, this
14 is -- this way of chemical notation seems to be a mixture of --
15 not clear mixture of at least two notations, but I cannot say
16 nothing about the correctness of something which I have very
17 general doubts about existing.

18 MR. CRAIG: I'd like to introduce this as an exhibit,
19 Exhibit 1.

20 MR. ULLMAN: That is objected to.

21 (Document entitled "Calcium
22 Pangamate, A Comparative Report,
23 DaVinci Laboratories," consisting
24 of 17 pages, marked Plaintiff's
25 Exhibit No. 1 for identification.)

MR. CRAIG: Q. Could you draw for me the chemical
structure of the mixture of calcium pangamate -- I mean the

1 mixture of calcium gluconate and dimethylglycine?

2 A. The mixture doesn't have structure. Mixture is
3 coexistence of two compounds without interreacting amongst
4 themselves.

5 MR. ULLMAN: One moment.

6 (Discussion off the record.)

7 MR. CRAIG: Q. Is calcium pangamate commonly added
8 to food?

9 A. No. And for a very simple reason, because I didn't
10 see practically anybody having it in pure form, and I see no
11 reason to add it to food.

12 Q. Is calcium gluconate and dimethylglycine commonly
13 added to food?

14 A. Calcium gluconate is not, but D-gluconic acid is a
15 metabolite of very many foodstuffs of plant origin.

16 Dimethylglycine is a metabolite of the very many
17 plant and animal foodstuffs.

18 Q. But is it commonly added to food?

19 MR. ULLMAN: As distinguished from any food
20 substances?

21 MR. CRAIG: I understand what he's saying, it's in
22 food.

23 THE WITNESS: It's commonly not added.

24 MR. CRAIG: Q. What food substances have dimethyl-
25 glycine in them? Can you give me some examples?

1 MR. ULLMAN: You mean what foods contain the food
2 substance dimethylglycine; is that your question?

3 MR. CRAIG: Q. What food substances contain
4 dimethylglycine?

5 MR. ULLMAN: You said -- you're saying what food
6 substances. You mean what foods?

7 MR. CRAIG: What foods.

8 MR. ULLMAN: Okay.

9 THE WITNESS: Look (indicating). Here we have inter-
10 relationship of metabolated glycine and derivatives. It
11 means -- this picture gives us the coexistence of certain
12 compounds in the tissues. And whenever I can find compound
13 called betalin and compound called sarcosine, I know that
14 transition between them involves formulation of dimethylglycine.

15 MR. CRAIG: Q. But can you specifically answer my
16 question of what foods contain --

17 A. All foods which are muscles or organs of animals to
18 start with and very many tissues of plants. For instance,
19 beets, red beets.

20 Q. Is it your opinion, Doctor, that the mixture of
21 calcium gluconate and dimethylglycine is safe for human con-
22 sumption as a dietary supplement?

23 MR. ULLMAN: Object to dietary, the characterization
24 of dietary supplement.

25 THE WITNESS: Whether it's safe for human consumption,

1 D-gluconic acid and its salt, calcium gluconate?

2 MR. CRAIG: I didn't have reference to that.

3 Q. I had asked you whether it's your opinion that the
4 mixture of calcium gluconate and dimethylglycine --

5 A. Within the dosages below one gram daily, I think it
6 is completely safe for an average, grown-up person when given
7 orally.

8 Q. On what do you base that opinion?

9 A. I base it on my knowledge of behavior of calcium
10 gluconate in the human body and on my own experiments of
11 toxicity of dimethylglycine and on my own self-experimentation;
12 I just have eaten one gram of dimethylglycine and waited to
13 see what happens. It didn't, anything.

14 Q. What if I were to ask you this question, this same
15 question, and have reference to the compound calcium pangamate,
16 not the mixture, calcium gluconate and dimethylglycine?

17 A. Then I would say --

18 MR. ULLMAN: Object to the form.

19 THE WITNESS: There is no information whatsoever to
20 answer this question.

21 MR. ULLMAN: Okay. Withdraw the objection.

22 MR. CRAIG: Q. What is an LD-50 test?

23 A. It is a test which determines conditions at which a
24 given substance causes death of 50 percent of the population
25 of experimental animals.

1 Q. Tell me what kinds of tests other than -- in your
2 opinion, what kind of tests should be conducted on a particular
3 substance, for example, a mixture of calcium gluconate and
4 dimethylglycine, what kinds of tests ought to have been
5 conducted on that or should be conducted?

6 Let me withdraw that question. Let me start over.
7 I'll start over.

8 In your opinion, what tests should be performed on a
9 substance before it can be recognized as safe?

10 MR. ULLMAN: Objection. What substance are you
11 talking about? I object to the form of the question. We're
12 not here about a substance. We're here about dimethylglycine
13 and calcium gluconate.

14 MR. CRAIG: I have reference to a substance that is
15 being added to food and that has no common history of being
16 added to food.

17 MR. ULLMAN: Your question is not directed to calcium
18 gluconate and dimethylglycine; is that correct?

19 MR. CRAIG: It's more general than that.

20 MR. ULLMAN: Object to the question.

21 MR. CRAIG: Objection noted.

22 THE WITNESS: You want me to answer very generally?

23 MR. CRAIG: Q. Yes, generally.

24 A. Whenever you deal with a substance which is contin-
25 uously during long range, long periods of time added to

1 human consumption, the tests should be very stringent and
2 should stress possible accumulation of the activities and the
3 long-range effects.

4 Q. What kinds of tests?

5 A. Very generally, answering, there should be four types
6 of tests, but I'm answering very generally your question.

7 There should be acute, subacute, chronical, and tests
8 delineating the embryo-pathic effects on pregnant females,
9 very generally answering.

10 Q. Have you conducted those kinds of tests on calcium
11 pangamate?

12 A. I'm not working with calcium pangamate. I would not
13 touch calcium pangamate.

14 Q. Have you conducted those kinds of tests on any
15 tablets labeled Aangamik 15, calcium gluconate and
16 dimethylglycine?

17 A. I was not carrying any tests on any preparations, no.

18 Q. Have you conducted those kinds of tests on dimethyl-
19 glycine by itself?

20 A. I would like to conduct such a test if I would be
21 properly granted.

22 Q. In your opinion, can one rely on an LD-50 test alone
23 to determine whether a substance is safe for human consumption?

24 A. In certain cases the results of LD-50 test plus
25 background knowledge would form a general opinion about the

1 substance, in certain cases, very generally. In very many
2 cases, no.

3 Q. Concerning the substance dimethylglycine, would you
4 in your opinion think that an LD-50 test and the background
5 knowledge of dimethylglycine is sufficient to show or establish
6 that it is safe for human consumption?

7 A. In the condition I referred to earlier, in means --
8 in the amounts I just said orally and in the amounts not
9 exceeding one gram per grown-up adult, I think it is safe
10 within this -- within those limitations.

11 Q. Okay. I understand that you think that it's safe
12 within those limitations. But do you think that it is safe
13 to conduct an LD-50 -- the conclusions from an LD-50 test and
14 background knowledge of dimethylglycine, is that sufficient
15 for you to make the determination that there is -- that this
16 is safe, below one gram daily, when taken below one gram daily?

17 MR. ULLMAN: I'm going to ask the reporter to read
18 the answer back because he just specifically answered that
19 direct question by telling you yes. I don't know why you
20 have to reask it.

21 MR. CRAIG: Go ahead and read it back.

22 (Record read.)

23 MR. CRAIG: Okay. He thinks it's safe, but he did
24 not say that those tests themselves are sufficient to establish
25 that it is safe. That's my question.

1 MR. ULLMAN: What tests?

2 MR. CRAIG: The tests that I asked him about, the
3 LD-50, an LD-50 and the background knowledge; that's what I
4 asked him.

5 THE WITNESS: There's an easy answer. When you ask
6 a specialist of an opinion, you are getting biased opinion
7 formed by the background of the specialist.

8 I have certain scientific background. I am deeply
9 rooted, so to speak, in certain scientific ways of thinking.
10 And for me when I have those premises, I am not afraid to
11 reach certain conclusions. Somebody else maybe reaches other
12 conclusion. But for me personally, it is enough to answer in
13 the way as I answered very simply.

14 MR. CRAIG: Q. Doctor, that still doesn't --

15 A. Very simple answer.

16 Q. My question is this: Based on --

17 A. My --

18 Q. -- your background knowledge of dimethylglycine and
19 conclusions from an LD-50 test --

20 A. Based on my background knowledge, I personally --
21 the answer is yes to this question. I don't know how somebody
22 else would answer it.

23 Q. Thank you. All right.

24 Now, I want to explore your background knowledge of
25 dimethylglycine.

1 What does that consist of?

2 A. What?

3 Q. You were referring to your background knowledge of
4 dimethylglycine.

5 A. It consists of knowing practically all written papers
6 about dimethylglycine in six languages in original without
7 translations and performing about one year experiments on this
8 pure compound obtained from a Swiss producer named Pflueger.

9 Q. Can you go into some of the scientific studies?

10 A. I can send you a list of references, and you can do
11 that yourself.

12 Q. Now --

13 MR. HYNES: Is it agreed that the doctor will compile
14 such a list that he used and submit it to us?

15 MR. ULLMAN: We'd be very happy to do that.

16 MR. CRAIG: A list of studies in foreign languages
17 or whatever?

18 THE WITNESS: Whatever.

19 MR. HYNES: We can always get it translated.

20 MR. ULLMAN: If we have some in the English language,
21 we'll throw those in too.

22 MR. HYNES: Just for laughs.

23 MR. ULLMAN: Not for laughs, just for common
24 knowledge.

25 MR. CRAIG: Q. Now, I want to talk about -- ask you

1 a question about your personal experimentation with the
2 compound.

3 MR. ULLMAN: What compound?

4 MR. CRAIG: With the compound of calcium pangamate --

5 THE WITNESS: I didn't do any experiments with
6 calcium pangamate.

7 MR. CRAIG: Q. When you refer to compound, then, are
8 you sometimes referring to dimethylglycine as a compound?

9 A. I am using the chemical nomenclature as any educated,
10 English speaking person is using. When I say "compound," I
11 mean chemical entity.

12 Q. Okay. Are you referring to dimethylglycine then when
13 you say "compound"?

14 A. Of course.

15 Q. Can you tell me about your own personal experimen-
16 tation with dimethylglycine?

17 A. I just -- before we had the break, I told you about
18 one of those experiments.

19 Q. Yes. I want to know some of the other studies that
20 you've conducted.

21 A. I didn't finish any other studies. Any interpre-
22 tation would be premature. I would hate to give you results
23 which in my opinion may be not of final formulation.

24 Q. Have you conducted any sub-chronic feeding studies
25 on dimethylglycine?

1 A. Yeah, I did. And I think you have in one of your
2 Answers of Interrogatories, you have a table taken from such
3 of my studies. There was, if I am correct -- maybe not. No.
4 No.

5 No, I don't think I did such experiments with pure
6 dimethylglycine. No, I didn't.

7 Q. You say "pure dimethylglycine."

8 Is there any other kind of dimethylglycine that you
9 did do sub-chronic feeding studies on?

10 A. It exists on the market, all chemicals exist in
11 different degrees of purity. And in certain types of experi-
12 ments the very high degree of purity is required. The purity
13 achieved, for instance, by repeated crystallization, something
14 like that.

15 Q. But my question is: Did you conduct the sub-chronic
16 feeding study on any other kind of dimethylglycine other than
17 that?

18 A. No. No. No.

19 Q. Okay. Have you conducted any lifetime feeding
20 studies in rodents?

21 A. No.

22 Q. Have you conducted any short-term feeding studies
23 of non-rodents involving dimethylglycine?

24 A. Non-rodents? No, I didn't. No.

25 Q. Have you conducted any multi-generation reproduction

1 feeding studies?

2 A. No. No.

3 Q. Any teratology studies?

4 A. Also not. I told you, all those studies are possible
5 but I didn't do it.

6 May I add now -- one moment. I was, as I said in
7 the beginning, following my own scientific interests and not
8 doing studies up to now for anybody else.

9 I was doing the things which I needed for my own
10 experiments when I wanted to design and experiment, and I
11 wanted to be sure that I am within the right dose from toxicity
12 point of view, and I looked into toxicity. But I was not
13 conducting any general toxicological studies. That simple.

14 Q. Fine. Are you presently in the process of conducting
15 any of these types of studies that I've mentioned?

16 A. No. No.

17 Q. Have you conducted an LD-50 test on dimethylglycine?

18 A. Yeah, I did.

19 Q. Can you give me the results?

20 MR. ULLMAN: Just a moment, Mr. Craig. In the
21 supplemental Answers to your first set of Interrogatories --
22 I don't know -- I think I furnished you with a copy this
23 morning, I think you have two of them there.

24 MR. HYNES: This one (indicating)?

25 MR. ULLMAN: That is correct. Off the record.

1 (Discussion off the record.)

2 MR. CRAIG: Q. Doctor, other than the two LD-50
3 tests that are submitted in the supplemental Answers to
4 Interrogatory --

5 A. Three.

6 Q. This one that you just gave us. Have you conducted
7 any other LD-50 tests on dimethylglycine?

8 A. I did, I think. I don't remember at this moment.
9 Maybe four or five additional tests because I was not sure at
10 which range of the dosages the response will be. In order to
11 do LD-50 tests, you must find a range where the lowest dose
12 does not kill any animal, the highest dose kills all animals
13 and the dosages between kills different animals. You have
14 those two extreme points, and the calculations are very
15 difficult. So before I reach the right points, it was
16 necessary to do some --

17 Q. Would you take a look at this document?

18 It's entitled "United States Patent Office,"
19 No. 3,033,868, Patented May 7, 1963.

20 A. Okay. As far as I remember --

21 Q. First of all, have you ever seen this before, this
22 document?

23 A. This document as it is, I did not. But chemical
24 abstract, abstract published in Chemical Abstracts have this
25 document. And the fact that you are looking at this is

1 because I found it.

2 Q. How does this -- could you continue looking over it?

3 A. Read it to yourself. I'm not tested in my ability
4 of reading. Do what you want.

5 Q. Doctor, I'm trying to find out how this patent
6 evidences the safety of calcium pangamate.

7 A. I'm not talking about calcium pangamate; how many
8 times I'm repeating this. I don't care about calcium
9 pangamate at all. Very simple.

10 How many times should I repeat this?

11 Q. Could I get an answer, then?

12 A. Answer about what?

13 Q. Whether or not this document evidences the safety of
14 calcium pangamate.

15 A. Send me this document; I will study two weeks, and
16 I will give you answer. I hate giving unprepared answer out
17 of which better trained person can have bad conclusions, for
18 the record.

19 Q. Let the record show that --

20 Are you saying -- are you saying that you're not
21 familiar enough with the document to be able to give me an
22 answer?

23 MR. ULLMAN: He didn't say that at all.

24 THE WITNESS: I said precisely what I said.

25 MR. CRAIG: Q. Doctor, can you tell me whether or

1 not this document evidences the safety of dimethylglycine?

2 A. I must look again, then I will be able to say
3 because I don't remember.

4 MR. ULLMAN: Take all the time you want, Doctor.

5 Where in these Interrogatories is that patent
6 referred to? Do you have a note?

7 MR. CRAIG: Answer to Interrogatory No. 12.

8 MR. ULLMAN: Okay.

9 THE WITNESS: This patent numbered 3,033,868, from
10 May 7th, '63, mentions dimethylglycine on Page No. 3. And
11 it says that this compound could be used to --

12 MR. CRAIG: Q. Are you reading?

13 A. Look. This is the paragraph where there are premises,
14 and this is where they mention dimethylglycine.

15 Q. Oh. This particular paragraph right here (indicating)
16 does mention dimethylglycine. But how does this evidence
17 that dimethylglycine -- how was this evidenced that dimethyl-
18 glycine is safe?

19 A. I assume, and you probably will be able to correct
20 me, that before they granted this patent they were checking
21 on which basis this statement is made. Otherwise, they
22 probably should not grant the patent.

23 Q. Other than that assumption, is there anything else
24 that --

25 A. You --

1 Q. Wait a minute.

2 Other than that assumption, is there anything else
3 in this patent that would establish or evidence the safety of
4 dimethylglycine?

5 A. If this patent does not indicate that NN-dimethyl-
6 glycine is relatively safe, then I must presume that Patent
7 Office did bad job granting this patent.

8 Q. But, Dr. Meduski, could you answer my specific
9 question?

10 Other than that assumption that you made, is there
11 anything else in this patent that evidences the safety of
12 dimethylglycine?

13 A. This patent gives us the results of something,
14 which I think are some tests. It doesn't give any way to
15 know how those results were obtained. I don't know. Very
16 frankly, I don't know.

17 MR. ULLMAN: I believe you also pointed our attention
18 to some reference on the first page having to do with
19 non-toxic --

20 THE WITNESS: I marked this (indicating).

21 (Discussion off the record.)

22 (Copy of document entitled
23 "United States Patent Office,"
24 No. 3,033,868, Patented May 7,
25 1963, marked Plaintiff's Exhibit
No. 2 for identification.)

1 MR. CRAIG: Q. Doctor, do you know what nitrosamines
2 are?

3 A. Yeah, I teach about this. If you would take my
4 lecture notes that students read, you would know what.

5 Q. Are nitrosamines considered by the scientific
6 community to be known carcinogens?

7 A. Look, this question is stupid because you know it's
8 considered carcinogens. I consider this question personally
9 offending; you know, personally offending.

10 Q. I don't mean to offend.

11 A. I feel offended. And, please, put this on the record.
12 I will have --

13 MR. ULLMAN: Let's go --

14 MR. CRAIG: Let's go off the record for a second.

15 (Discussion off the record.)

16 MR. CRAIG: Q. Do you know what nitrososarcosine is,
17 Doctor?

18 MR. ULLMAN: I'm going to object to that. That is
19 so far beyond the scope of this examination --

20 THE WITNESS: I have --

21 MR. ULLMAN: Doctor, I'm objecting. Please.

22 MR. CRAIG: Objection noted.

23 MR. ULLMAN: I'm going to direct him not to answer
24 that unless you want to point out to me the possible connection
25 to anything in this case.

1 MR. HYNES: I don't think you can direct him not to
2 answer any question unless it involves a personal privilege or
3 attorney-client privilege or work product privilege.

4 MR. ULLMAN: I can direct him not to answer any
5 question simply by exercising my powers of speech.

6 Now, I'll let him answer this question, but we're
7 going very far afield.

8 Do you want the question read back, Doctor?

9 THE WITNESS: No, I remember. I know this question.
10 And I know -- what you want to know about this?

11 MR. CRAIG: Q. Is nitrososarcosine a nitrosamine?

12 A. Of course. And it's nitroso group, just a little of
13 organic chemistry.

14 Q. Do you know of any scientists qualified by scientific
15 training and experience to evaluate the safety of dimethyl-
16 glycine who are of the opinion that dimethylglycine has been
17 shown through scientific studies to be safe for human con-
18 sumption other than yourself?

19 A. I think nobody was interested in this problem, but
20 some people approached very close to this problem, and I know
21 about toxicological studies on laboratory animals which are
22 closely related to the toxicity of dimethylglycine.

23 Q. No. I asked do you know of any scientists,
24 scientists other than yourself?

25 A. When I'm talking toxicological studies, I can,

1 obviously, name the scientists.

2 Q. Would you name those scientists for me?

3 A. I will get it from my briefcase. The names of the
4 scientists who did toxicity studies, including the group of
5 compounds very closely related to dimethylglycine are Professor
6 Strack --

7 Q. Doctor, what did he do his study on, toxicity study
8 on?

9 A. He did the toxicity studies on the whole family of
10 betaines, carnitines and their derivatives. The studies were
11 published in German in the journal which is called Acta biol.
12 med. germ., Volume 3, Pages 28 to 36, 1959.

13 Q. Okay. Doctor, can you tell me what other scientists--
14 can you name some other scientists other than Dr. Strack?

15 A. Doing toxicity studies?

16 Q. Who are of the opinion that dimethylglycine has been
17 shown to be safe for human consumption?

18 A. No one ever approached dimethylglycine from the
19 point of view of adding to foods because this compound was
20 scientifically very neglected. Nobody was --

21 Q. Are you then saying, Doctor, that you don't know of
22 any other scientists who are of the opinion that dimethyl-
23 glycine has been shown to be safe?

24 A. No, I want to answer this question differently.

25 I don't think any other scientist posed to himself

1 the question of toxicity of dimethylglycine to humans.

2 Q. Doctor, do you know of any scientists who are of
3 the opinion that dimethylglycine has not been shown to be safe?

4 A. For humans?

5 Q. For human consumption.

6 A. I didn't talk with any scientists about the subject,
7 and I didn't read any paper dealing with evaluation of
8 dimethylglycine from this point of view.

9 Q. Do you know Thomas Jukes?

10 A. I don't know him personally. He phoned me once, and
11 I talked by phone with him.

12 Q. Do you know whether Dr. Jukes is recognized in the
13 scientific community as an expert in this field?

14 MR. ULLMAN: What field is that?

15 MR. CRAIG: The field of --

16 THE WITNESS: Which field?

17 MR. CRAIG: The field of vitamins, nutrients.

18 THE WITNESS: I know that Dr. Jukes, as far as I
19 know, never dealt with dimethylglycine in his scientific
20 career.

21 MR. CRAIG: Q. Do you know a George Briggs?

22 A. I know of him, but I don't know him.

23 Q. Do you know whether George Briggs is recognized in
24 the scientific community as an expert in this field?

25 A. In which field?

1 Q. In the field I mentioned earlier.

2 A. Nutrition?

3 Q. Yes.

4 A. Yeah. I know that neither Dr. Jukes nor Dr. Briggs
5 are physicians; this I know. Second, I know that both Dr.
6 Jukes and Dr. Briggs in their opinion, which I read, did not
7 mention once dimethylglycine. They were dealing with B15 and
8 pangamic acid.

9 In their opinion, I did not read one word about
10 dimethylglycine. And, please, correct me if I'm wrong.

11 MR. ULLMAN: Do you wish to correct the doctor, Mr.
12 Craig?

13 MR. CRAIG: I'm moving on.

14 MR. ULLMAN: Surely. Please do.

15 MR. CRAIG: I don't want to get into an argument.
16 I don't agree with him, but I don't want to get into an
17 argument.

18 MR. ULLMAN: If you don't agree, would you like to
19 show it?

20 MR. CRAIG: Q. Isn't it true, Doctor, that there's
21 no consensus in the scientific community that dimethylglycine
22 is safe for human consumption?

23 A. Very frankly, I don't know about any public dis-
24 cussion about adaptability of dimethylglycine for human
25 consumption except for today, now we're making, very frankly.

1 Q. When I say dimethylglycine for human consumption,
2 what I'm talking about is dimethylglycine being put in tablet
3 form to be taken several times a day.

4 A. I answered about dimethylglycine. When you put
5 dimethylglycine in a big bottle and drink everything, you will
6 be dead for sure, especially 500 grams, you would be taking.

7 Q. Doctor, is your answer then the same whether or not
8 dimethylglycine is mixed with any other substances, such as
9 calcium gluconate or whatever?

10 MR. ULLMAN: You mean at the 500-gram level; is that
11 your question?

12 MR. CRAIG: Q. At the 500-gram level -- where did
13 you get the figure 500?

14 MR. ULLMAN: Because that was his last answer, 500
15 grams.

16 MR. CRAIG: Q. Now --

17 MR. ULLMAN: Do you want to hear it back?

18 MR. CRAIG: I have reference to -- just one second.

19 Q. I want to go back to a question. I'm not talking
20 about the 500-gram level, no.

21 MR. ULLMAN: Because the doctor was.

22 MR. CRAIG: Q. I'm not talking about the 500-gram
23 level. I'm talking about taking dimethylglycine, mixing it with
24 calcium gluconate, whatever, taking it --

25 MR. ULLMAN: Excuse me. Object to the form of the

1 question.

2 Now, is your question mixing dimethylglycine and
3 calcium gluconate, or is it dimethylglycine and whatever?

4 MR. CRAIG: Mixing calcium gluconate and
5 dimethylglycine.

6 Q. Are you still of the opinion that there is no --

7 A. I can answer in the following way: When I would
8 take a tablet containing 20 milligrams of dimethylglycine and
9 about 30 milligrams of calcium gluconate mixed in the dry state
10 I could take in this tablet without any negative results.

11 Q. Okay. My question --

12 A. My personal opinion.

13 Q. My question, though, went to the consensus in the
14 scientific community as to the safety of dimethylglycine.

15 A. My answer is that scientific community was so blind
16 by the attention to nonexistent vitamin B15 and nonexistent
17 pangamic acid and nonexistent calcium pangamate that it was
18 never virtually interested in exploring biological properties
19 of dimethylglycine.

20 Q. Does the human body produce dimethylglycine?

21 A. Yeah, constantly.

22 Q. Can you tell me, where, in any particular organ?

23 A. In all organs which contain both sarcosine and
24 betalin.

25 Q. Is it produced in the liver?

1 A. Oh, yeah.

2 Q. Is it produced in the stomach?

3 A. I don't know whether I have data supporting this
4 because I gave you and you probably have somewhere the list of
5 compounds based on an American publication in the early '20's,
6 list of organs based on the American publication from the
7 early '20's, which contain both sarcosine and betalin. All
8 those organs contain also dimethylglycine because it is a
9 metabolic link between those organs.

10 Q. Does the fact that a substance may be found naturally
11 in food mean that it is safe for human consumption when added
12 to the diet?

13 MR. ULLMAN: I'm sorry. May I hear that question
14 back, please?

15 (Record read.)

16 THE WITNESS: The answer must put many restrictions
17 in order to be positive, many restrictions because any com-
18 ponent of foods when given out of proportion or with interacting
19 with other substances may have negative effects.

20 MR. CRAIG: Q. Does the fact that dimethylglycine
21 is found naturally in food necessarily mean that it is safe
22 for human consumption when added to the diet?

23 A. The answer is precisely the same; within limitations
24 because out of proportion and in the negatively synergistically
25 active situation it could have negative effects..

1 Q. Is dimethylglycine a vitamin?

2 A. No, not at all.

3 Q. Is calcium pangamate a vitamin?

4 A. Absolutely not.

5 Q. Is pangamic acid a vitamin?

6 A. Absolutely not. I told you several times about this.

7 Q. Would the mixture of calcium gluconate and
8 dimethylglycine be a vitamin?

9 A. No. No, absolutely not.

10 Q. Is dimethylglycine needed in the diet?

11 A. No, it's not needed.

12 Q. Is calcium gluconate needed?

13 A. No, it's not needed. There is nothing needed in the
14 diet.

15 Q. Do you have an opinion as to the level of dimethyl-
16 glycine that should be in the normal diet?

17 MR. ULLMAN: Should be for what purpose?

18 THE WITNESS: Should be?

19 MR. CRAIG: Yes.

20 MR. ULLMAN: Object to the form of the question,
21 "should be."

22 THE WITNESS: I'm sorry.

23 MR. ULLMAN: There's an objection to form, Doctor.

24 THE WITNESS: Excuse me.

25 MR. CRAIG: Q. "Should be" meaning for a normal,

1 healthy body.

2 A. You're rephrasing the question, which I already
3 answered negatively, which means that either you are unable
4 to catch up the difference between your questions or that
5 you are considering the fellow you're talking to also on your
6 level.

7 Q. Doctor, are you familiar with the American Institute
8 of Nutrition?

9 A. Yes.

10 Q. Are you a member?

11 A. I was invited by Dr. Roslyn Alfin-Slater and Dr.
12 Steven Zamenhof to become member; they wanted to sponsor me.
13 I was too busy to go to them.

14 Q. So you're not presently a member?

15 A. I am member of so many societies and I pay so much
16 membership fees that with my salary I must think twice. I am
17 member of the oldest biochemical society in the world, of the
18 British Biochemical Society, starting 1949, and I pay too
19 much for it.

20 Q. That answers the question.

21 A. Oh, good.

22 Q. Is it your opinion that the American Institute of
23 Nutrition is an expert body in the field of nutrition?

24 MR. ULLMAN: Object to the form of that question.

25 THE WITNESS: Why not? Of course.

1 MR. CRAIG: Q. Are you aware that the American
2 Institute of Nutrition Committee on Nomenclature has reviewed
3 the nutrient status of pangamic acid, so-called vitamin B15?

4 A. I repeated many times that I won't use the name of
5 pangamic acid in a decent society, in a decent talk. Why you
6 come back to it?

7 Q. Doctor, let me show you a copy of these two articles.

8 A. Yeah, I know them. I also would say you have them
9 because I found them.

10 Q. In the Answers, in the claimant's Answers to
11 Interrogatories, they cite these two articles as allegedly
12 demonstrating the biological role of dimethylglycine in
13 transmethylation.

14 A. That's true. This is also quoted in this, and those
15 two papers are referred to in this book (indicating).

16 MR. ULLMAN: Let the record show that the doctor
17 was just referring to An Introduction to Metabolic Pathways.

18 MR. CRAIG: Q. My question, Doctor, is whether
19 either of these articles address the safety of dimethylglycine.

20 A. Those articles were not from general point of view
21 of impact of the compound on animal metabolism; they were not
22 thinking about any non-animal application.

23 (Document entitled "The Metabolism
24 of Dimethylglycine by Liver
25 Mitochondria," Vol. 232, 1958,
marked Plaintiff's Exhibit No. 3
for identification.)

(Document entitled "Biosynthesis of Choline and Betaine," from The American Journal of Clinical Nutrition, marked Plaintiff's Exhibit No. 4 for identification.)

MR. CRAIG: Q. Doctor, you mentioned earlier that the University, correct me if I'm wrong, was given a grant by the DaVinci Laboratories for you to conduct certain studies?

A. Yeah, that's true.

Q. Was the University also given a grant by Foodscience Laboratories for you to conduct certain studies?

A. As far as I remember, in the University files the donor of the grant is DaVinci.

Q. Do you happen to know the relationship between DaVinci and Foodscience Laboratories?

A. No. No.

MR. CRAIG: No further questions.

EXAMINATION BY MR. ULLMAN

MR. ULLMAN: One or two questions.

Q. Dr. Meduski, is there a chemical interaction in a mixture of dimethylglycine and calcium gluconate?

A. When they are in the dry state, the interaction is minimized.

Q. Would a dry mixture of dimethylglycine and calcium gluconate require in your opinion any separate test for safety of that mixture?

1 STATE OF CALIFORNIA)
2 County of Alameda) SS

3
4 I, ROBERT MORRIS, a Notary Public in and
5 for the State of California, hereby certify:

6 That the witness in the foregoing deposition, named
7 Jerzy W. Meduski, was by me duly sworn to tell
8 the truth, the whole truth and nothing but the truth in the
9 within entitled cause.

10 That said deposition was taken down in shorthand by
11 me, a Certified Shorthand Reporter and a disinterested
12 person, at the time and place therein stated, and that the
13 testimony of the said witness was thereafter reduced to
14 typewriting under my direction and supervision;

15 That if the deposition has not been signed by the
16 time of trial, a reasonable opportunity having been given
17 the witness to do so, signature has been waived in accordance
18 with stipulation between counsel.

19 I further certify that I am not of counsel or
20 attorney for either or any of the parties to the said
21 deposition, nor in any way interested in the event of this
22 cause, and that I am not related to any of the parties
23 thereto.

24 IN WITNESS WHEREOF, I have hereunto set my hand and
25 affixed my seal this ___ day of _____, 19__.

26
27 _____
28 Notary Public